

STATE OF FLORIDA

DIVISION OF ADMINISTRATIVE HEARINGS

- - - - -X

STATE OF FLORIDA, AGENCY FOR  
HEALTHCARE ADMINISTRATION,

Petitioner,

vs.

DOAH CASE NO. 17-5769

REHABILITATION CENTER AT

HOLLYWOOD HILLS, LLC,

Respondent.

- - - - -X

DEPOSITION OF: WILLIAM SCOTT CRAWFORD, PE  
DATE: February 16, 2018  
TIME: 3:35 p.m. to 5:22 p.m.  
PLACE: Renaissance Tampa International Plaza  
4200 Jim Walter Boulevard  
Tampa, Florida

PURSUANT TO: Notice by counsel for  
Respondent for purposes of  
discovery, use at trial or  
such other purposes as are  
permitted under the Florida  
Rules of Civil Procedure

BEFORE: Juliana M. Cary, RPR  
Notary Public, State of  
Florida

1 APPEARANCES:

2 J. STEPHEN MENTON, ESQUIRE  
 3 GABRIEL F.V. WARREN, ESQUIRE  
 4 RUTLEDGE ECENIA, P.A.  
 119 South Monroe Street, Suite 202  
 Tallahassee, Florida 32301  
 Attorneys for Petitioner

5 GEOFFREY D. SMITH, ESQUIRE  
 6 SUSAN C. SMITH, ESQUIRE  
 SMITH & ASSOCIATES  
 7 1499 South Harbor City Boulevard, Suite 202  
 Melbourne, Florida 32901

8 -And-

9 JULIE W. ALLISON, ESQUIRE  
 10 LAW OFFICE OF JULIE W. ALLISON, P.A.  
 225 S. 21st Avenue  
 11 Hollywood, Florida 33020  
 Attorneys for Respondent

12 I N D E X

13  
 14 DIRECT EXAMINATION BY MR. SMITH Page 4  
 15 CERTIFICATE OF OATH Page 82  
 16 REPORTER'S CERTIFICATE Page 83  
 17 ERRATA Page 84

18 E X H I B I T S

Respondent	Description	Marked
21 173	Life Safety Drawings (RETAINED BY ATTORNEY SMITH)	15
22 174	Spec Sheet	16
23 175	AmeriCool Owner's Manual	17
24 176	Google Research	17

E X H I B I T S (Cont'd)			
	Respondent	Description	Marked
1			
2			
3	177	Optional Ceiling Kit	18
4	178	Heat Load Summary	18
5	179	Weather Data	18
6	180	Handwritten Notes	19
7	181	Drawings	19
		(RETAINED BY ATTORNEY SMITH)	
8			
	182	Drawing	20
		(RETAINED BY ATTORNEY SMITH)	
9			
10	183	Field Notes	21
		(RETAINED BY ATTORNEY SMITH)	
11			
	184	Condenser Total Heat of Rejection	32
12			
	185	Chiller Spec Sheet	32
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

1                    WILLIAM SCOTT CRAWFORD, P.E.,  
2     the witness herein, being first duly sworn on oath, was  
3     examined and deposed as follows:

4                    DIRECT EXAMINATION

5     BY MR. SMITH:

6            Q     Would you please state your name.

7            A     William Scott Crawford.

8            Q     Mr. Crawford, I am Geoff Smith, and we just  
9     met. I'm an attorney, and I represent Rehabilitation  
10    Center at Hollywood Hills. We're here today in a  
11    deposition because you've been identified as an expert  
12    witness, that you'll be presenting testimony in this  
13    proceeding in which the State is seeking to revoke the  
14    license of what I'll refer to in today's deposition as  
15    Hollywood Hills.

16            So I usually start a deposition by asking the  
17    witness, would you agree to share with me the opinions  
18    you've formed and the bases of those opinions and  
19    discuss with me any documents or information you've  
20    reviewed?

21            A     Yes.

22            Q     Let's start with, have you describe for me or  
23    give me a thumbnail sketch of your education and  
24    professional career, just kind of a big picture.

25            A     Well, I was raised in a household where my

1 father had an AC, air conditioning, contracting  
2 business. In life, I worked in the field hanging  
3 ductwork, and servicing units, and things like that when  
4 I was teenager.

5 I got to the point where I decided I wanted to  
6 go to college and learn how to draw pictures of and  
7 design it, didn't want to hang duct anymore. So I went  
8 to Manatee Community College at the time, got an  
9 associate's degree, went to the University of Florida,  
10 got a bachelor's of science in mechanical engineering.  
11 Graduated in 1981 with honors.

12 Went back to work in my father's business for  
13 nine years and did new construction, but was essentially  
14 managing people and buying products, and wanted to do  
15 more engineering. So I decided after nine years to join  
16 Frank Williams, who was in his 70s, I believe, at the  
17 time, 60s or 70s, older engineer.

18 I started with Frank Williams in 1990, and  
19 then I made an agreement and bought him out in 1994 and  
20 changed the name to Crawford Williams Engineering. And  
21 I've been self-employed as Crawford Williams Engineering  
22 since 1994.

23 The majority of the work we do at this time is  
24 senior housing design. I'm not a -- normally I work for  
25 architects and developers, not attorneys, so.

1           Q     From 1994 to the present, has your focus been  
2     senior housing development or design?

3           A     Probably more in the last five years.  I've  
4     done several projects, but more in the last five years  
5     I've done -- because I don't know if you're aware, there  
6     was a moratorium on skilled nursing facilities for a  
7     long time.  And they released 3,800 beds a couple years  
8     ago, so I've been doing skilled nursing facilities since  
9     then because the developers are now building them again.

10          Q     Is your focus in the skilled nursing  
11     facilities with design for the HVAC systems?

12          A     Yes.

13                 MR. MENTON:  What does the V stand for?

14     Ventilation?

15          A     Yes.  Heating, ventilation, air conditioning.

16     BY MR. SMITH:

17          Q     And you're a licensed professional engineer?

18          A     Yes.

19          Q     Ever have any problems with the board of  
20     engineers; in other words, any kind of disciplinary  
21     proceedings?

22          A     No, never had any complaints.  I did move in  
23     1998, and my renewal wasn't forwarded to my address, so  
24     I didn't renew.  And I had to notify them and then I had  
25     to pay a fine and take an ethics course to get my

1 license again because it expired because I didn't renew.

2 I renewed my business license, but my engineering --

3 Q Did they make you retake a test or anything?

4 A No. They made me take a 20-hour ethics  
5 course, believe it or not, and I paid a fine. I don't  
6 remember what the fine was. But anyway, then I got my  
7 licensed renewed.

8 I've never had a complaint or any disciplinary  
9 action by the Board of Professional Engineers.

10 Q Does Crawford Williams employ other  
11 engineers?

12 A Yes.

13 Q How many engineers do you have?

14 A Presently, we have two other engineers besides  
15 myself, and one of those assisted me in -- the  
16 professional engineer assisted me on this job on the  
17 loads and also attended a site visit with me.

18 Q Who is that?

19 A His name is Brent Gordon.

20 Q And is he also a mechanical engineer?

21 A Yes. He graduated the University of Florida.  
22 He's been working for me for five years, I believe.

23 Q PE?

24 A He just passed his PE this last October. In  
25 the state of Florida, you have to work four years for

1 another engineer before you can take the test, so.

2 Q Can you give me a sense of how did you come to  
3 be involved in this case? Who contacted you? How did  
4 you end up becoming an expert?

5 A Well, I think it was a reference from another  
6 engineer, but then Gabe called me directly and I spoke  
7 to Gabe. I'm sorry, Steve called me.

8 Q Steve Menton?

9 A Yes.

10 Q You said it was from, you thought you were  
11 referred from another engineer. Do you know who?

12 A Yes. It was Andy Gonci, who used to work for  
13 me. He's now a PE on his own.

14 Q And he had been called -- he wanted to do it  
15 and said, I don't want to do it --

16 A No, I actually never spoke to Andy about it.  
17 I don't know how he got involved. I just got a heads  
18 up, they may be calling you kind of thing.

19 Q Do you have a written agreement with the law  
20 firm or with the State?

21 A It's just an hourly rate agreement.

22 Q Is it like a written contract agreement, or is  
23 it an --

24 A Contract. It's something that I signed.

25 Q What's your hourly rate on this assignment?



1           A     \$250 an hour.

2           Q     Is it the same for what we're doing today  
3 versus site visit versus research?

4           A     Yes. It's just a flat fee.

5           Q     Do you know how much time you've spent thus  
6 far?

7           A     For this one, personally I've spent probably  
8 25 to 30 hours. I think Brent has probably another 20  
9 hours in himself. I haven't seen his timesheets, but  
10 that's just a rough estimate.

11          Q     What documents have you reviewed thus far?  
12 Strike that. Let me ask a broader question.

13                    So what was your understanding, from talking  
14 with the attorneys, what was your role and the scope of  
15 services that you would be providing?

16          A     Well, they wanted me to analyze the things  
17 that were done at the facility and if they were  
18 sufficient to maintain the temperature that they needed  
19 to be. I think they had to stay below 81 degrees. It's  
20 to analyze the building and the steps taken by the  
21 facility to insure that was the case.

22          Q     And did they provide you with any information  
23 as to the steps that were taken, any assumptions or, you  
24 know, factual background information?

25          A     I think they told me, you know, what they,

1 when they lost the chiller. They'd given me portable  
2 air conditioning units, documentation on the number of  
3 portable air conditioners, they supplied me with the  
4 crime scene photos, which spots the units.

5 I was given a set of plans for a generator  
6 replacement, which this set came from. That was done in  
7 2016, I believe. We also did a site visit a week ago.

8 Q So the attorneys told you when the chiller  
9 went out?

10 A Yeah.

11 Q Did they just give you -- how did they convey  
12 that; just orally or in an email?

13 A I got you. I read is it James Williams'  
14 deposition? I think he states in there it was 3:30 on  
15 Sunday the 10th.

16 Q So you reviewed Mr. Williams' deposition?

17 A Yes.

18 Q Go ahead and tell me, what documents have you  
19 reviewed?

20 A The plans of the generator replacement in  
21 2016, I reviewed the deposition of James Williams, and  
22 the crime scene photos I think is what I was given.

23 Q Is that it? Anything else?

24 MR. WARREN: I think everything you got today  
25 is a good start.

1           A     Yeah. I mean, that was essentially it. The  
2 crime scene photos.

3 BY MR. SMITH:

4           Q     You have a stack of documents with you. Can  
5 you go through and tell me what each of them is?

6           A     These are the crime scene photos. I didn't  
7 put those on the table. I didn't think you wanted to  
8 see those.

9                     The notice, obviously. And then this is James  
10 Williams' deposition. Life safety plan, which I have  
11 marked up with the photos. This is a plan I highlighted  
12 the areas we did load calculations in. This is a  
13 summary of the load calculations.

14                    This is all weather data. This is the spot  
15 cooler information, and that's the notice. I think  
16 that's pretty much it.

17                    This is an owner's manual. There are some  
18 other manufacturer's data. This is the spot coolers.

19           Q     You said you made a site inspection?

20           A     I did.

21           Q     Can you tell me, what did you observe during  
22 the site inspection?

23           A     Well, we walked around. We identified where  
24 the units were that were installed in the building. We  
25 took measurements. It was operational when we visited.

1 So we took measurements of the windows and the walls,  
2 because we had to do a load calculation, heights, things  
3 like that. We also identified where all the systems  
4 were installed in the building.

5 We looked at the chiller and saw the size of  
6 the chiller that was installed, and just generally the  
7 building construction, looked at the different building  
8 construction. It appeared that this was a different  
9 building than this. This looks like an addition to me.  
10 This is a different construction type than this.

11 Q And you're indicating the --

12 A The east end of the building. This square  
13 that was added, this appears to be different -- the  
14 construction was different here than it was here.

15 Q And on the drawing, if you could just tell me,  
16 what's the date on this drawing?

17 A This is a life safety plan from 5/6/2016. It  
18 was part of the generator replacement.

19 Q And it has letters and circles, A, B, C, D, E?

20 A Yeah. Those are smoke compartments.

21 Q So they have the smoke compartments A, B, C,  
22 D, E, correct?

23 A Yeah. Both floors.

24 Q And what you were just indicating as it looked  
25 like maybe different construction was the smoke

1 compartment A --

2 A The smoke compartment on both floors looks to  
3 be different.

4 MS. SMITH: Do you mind if I come over and  
5 look at some things, orient myself?

6 THE WITNESS: Yeah, go ahead. I don't care.

7 A This is north there. That's the east parking  
8 lot.

9 BY MR. SMITH:

10 Q You've indicated on your drawing a yellow  
11 highlighter line. Can you tell me, what is that line?

12 A I just highlighted where the nursing site was  
13 different. Their line was on the plan. I just  
14 highlighted what was different. The psych unit is this  
15 little piece down here and all this.

16 Q So on the first floor, the psych unit is much  
17 smaller?

18 A It's 4,000 square feet.

19 Q Why don't we run through the first floor.

20 What is the square footage for the psych?

21 A 4,053 is what I measured. We took this and  
22 put it on a CAD background and we measured on CAD. So  
23 our numbers are pretty close to these, but they're not  
24 exactly the same.

25 Q And then the first floor of the rehab center?

1 A 28,187.

2 Q And then second floor psych?

3 A 15,678. And these are our measurements.

4 Q Right. And second floor rehab?

5 A 14,631.

6 Q So the second floor is roughly, the second  
7 floor -- I'm sorry, the second floor rehab is roughly  
8 half the size of the first floor rehab?

9 A Yeah, close.

10 Q So tell me then -- you've given me what you  
11 reviewed. Did you get an understanding of, from reading  
12 James Williams, of what his reaction was when they lost  
13 the power to the chillers?

14 A Yeah. I read in his deposition that he had  
15 ordered portable air conditioners in advance and had ten  
16 of them on site, nine of them which worked, one was  
17 non-functional.

18 Q And you said you had some information from the  
19 manufacturer. Who was the manufacturer of the spot  
20 coolers?

21 A They're Weltem. They're made in Korea.

22 Q Are they marketed under a brand name of ICEN,  
23 or I-C-E-N?

24 A Yeah, that's their tradename. That's what's  
25 on the cover, but they're actually marketed in the

1 United States under the name of AmeriCool, because I had  
2 to -- when I was doing the research on the units, I  
3 can't read Korean, so I had to download the AmeriCool  
4 manual because this one was in Korean.

5 Q Tell me what you learned from the -- I'd like  
6 to --

7 MR. SMITH: I know we don't have a copy. Do  
8 we have his documents on a -- electronically or  
9 something that we can use?

10 A I think I'll just turn them over to these guys  
11 and --

12 MR. WARREN: What do you want to look at it?

13 MR. SMITH: All of it. I want to have copies  
14 of it. But we'll attach them so we can -- the  
15 court reporter will have them, and you can give us  
16 copies.

17 (Discussion off the record.)

18 MR. SMITH: We're going to call Exhibit 173  
19 the life safety drawings for generator replacement.

20 (Respondent's Exhibit 173 was marked for  
21 identification.)

22 BY MR. SMITH:

23 Q Did you tell me that was 2016?

24 A Yeah. The date on it is 05/06/2016. So May  
25 6th, 2016.

1 Q And then we'll call 174 this document, which  
2 is -- if you don't mind, I'll just mark it for you.

3 A Go ahead.

4 Q It's --

5 MR. WARREN: Spec sheet.

6 BY MR. SMITH:

7 Q Spec sheet for various spot coolers. And I  
8 guess you've highlighted in yellow --

9 A The two that were there.

10 Q -- the two that were there?

11 A Yep.

12 (Respondent's Exhibit 174 was marked for  
13 identification.)

14 BY MR. SMITH:

15 Q And then we'll call 175 --

16 A Owner's manual.

17 Q Owner's manual. And then you've got -- let's  
18 just go ahead finish marking documents.

19 A All right. This is just research on portable  
20 air conditioners. This was another manufacturer because  
21 their owner's manual doesn't mention the optional  
22 ceiling kit accessory in their owner's manual. This was  
23 another manufacturer that discusses the optional ceiling  
24 kit.

25 Q Let me understand that one. Let's take a look



1 at it.

2 You said this is not specifically for the same  
3 manufacturer?

4 A No.

5 Q But it's --

6 A Another manufacturer of portable air  
7 conditioners.

8 MS. SMITH: That's 186?

9 MR. SMITH: 176.

10 A And that one is just Google research, just a  
11 general commentary on portable air conditioners.

12 (Respondent's Exhibits 175 and 176 were was  
13 marked for identification.)

14 BY MR. SMITH:

15 Q And then let's call your next document -- you  
16 said you had heat load calculations?

17 A Yeah. These are heat load summaries for the  
18 first and second floors for the units that went out.

19 Q So 177 we're marking as your load summaries?

20 A Yes. So you're calling this the same one,  
21 176, for both of these?

22 Q No, no, no. 176 I thought was this document.

23 A No. You wrote it on this one. That's just a  
24 general how-to, portable air conditioner research.

25 Q I didn't realize those were two different

1 documents.

2 A I'm sorry.

3 Q How to vent your portable AC?

4 A That's just --

5 Q That's a general something that you found on  
6 Google?

7 A Yeah. Discussion of portable ACs ventilation.

8 Q 177 is the optional ceiling kit.

9 MS. SMITH: The heat load will be 178?

10 A Heat load summary is 178?

11 BY MR. SMITH:

12 Q Right.

13 (Respondent's Exhibits 177 and 178 were marked  
14 for identification.)

15 A I didn't give you weather data, right?

16 BY MR. SMITH:

17 Q 179, weather data.

18 (Respondent's Exhibit 179 was marked for  
19 identification.)

20 A The only thing else I have is field notes,  
21 then I have a couple plans you can mark if you want.

22 BY MR. SMITH:

23 Q Are they additional copies of the same plan?

24 A I keyed the photos on this one. That's the  
25 only reason it's different.

1           MR. WARREN: You're going to want to mark that  
2           one.

3           A     I marked the spot cooler locations and the  
4           photo locations. It's the same plan, but I just marked  
5           where the spot coolers were and the --

6           BY MR. SMITH:

7           Q     Hold on. You're ahead of me.

8                     (Discussion off the record.)

9           A     180 is handwritten, and 181 is the marked  
10          drawings.

11                    (Respondent's Exhibits 180 and 181 were marked  
12          for identification.)

13          A     What else do I have?

14          BY MR. SMITH:

15          Q     Safety with photo locations, right?

16          A     Yes.

17          Q     While I'm on that, how did you determine the  
18          photo locations? Did you talk to somebody?

19          A     No. I just went there and looked at them.

20          Q     You just looked at the photos?

21          A     Yeah. A lot of them have room numbers and  
22          things like that. So, I mean, I just went through and  
23          -- not everybody is on here, but the ones I can identify  
24          where they were, I put them on here. And there were  
25          some that had the gun readings. I put the gun readings

1 on here, too. Like, for example, this is 71, 73  
2 pointing at this wall, and the the gun reading says  
3 100.9.

4 And then this is important to you. This is a  
5 markup of what we did to take off when we did the load  
6 calculations. We consider this the patient areas, and  
7 this was the first floor west, first floor east, and  
8 then the second floor. This is the areas we actually  
9 did our load calculations on.

10 (Respondent's Exhibit 182 was marked for  
11 identification.)

12 BY MR. SMITH:

13 Q That's 182. 182 is a two-page drawing with  
14 your markup of load calculations --

15 A It's actually three pages.

16 Q There's three pages?

17 A First floor east and west and then the second  
18 floor.

19 Q That's all the documents?

20 A This is James Williams' testimony.

21 MR. MENTON: I will say for the record that he  
22 had asked us to get copies of the actual building  
23 plans, and we asked for those when we were at the  
24 facility. We weren't able to get those at the  
25 time. We are going to try to get those through the

1 city and try to see what we can get through them.

2 So if he gets those -- as you'll see as you go  
3 through this stuff, he's made very conservative  
4 estimates based upon what is available. But when  
5 we get the building plans, they'll probably refine  
6 those. If we get them, we'll make them available.

7 BY MR. SMITH:

8 Q So you have another set of drawings?

9 A This is our field notes when we were there.  
10 This is just what we marked up when we were down there.

11 Q The drawings, is that -- what is the source of  
12 those drawings?

13 A What is the source of the drawings?

14 Q Did you draw those?

15 A No, no, no. They're based on these  
16 backgrounds. We just, I believe it came off the life  
17 safety plan, because there's still some things on here  
18 that are safety related. We kind of cleaned it up,  
19 though. These are the field notes of where we measured  
20 temperatures and things like that and where we located  
21 units. Kind of a scribbling.

22 Q We'll call it 183, field notes.

23 A It's more for our use.

24 MR. WARREN: Composite all those.

25 (Respondent's Composite Exhibit 183 was marked

1 for identification.)

2 A If you can understand it, good for you. I  
3 think that's all I have. Everything else was marked.

4 BY MR. SMITH:

5 Q I'll go through the documents, but probably a  
6 more efficient use of our time is -- now I know what  
7 you've looked at. So tell me what conclusions or  
8 opinions you've reached based on all this information  
9 that you've looked at.

10 A Well, in a nutshell, you didn't have enough  
11 capacity, and what they did made it worse. That's it in  
12 a nutshell.

13 You had an 125-ton chiller that went down, and  
14 they brought in 15 tons of portable coolers. So they  
15 lost 125 tons and replaced it with 15 tons.

16 On the skilled nursing side of that, if you do  
17 the area proration, because I don't know exactly the  
18 capacity, but essentially the skilled nursing is about  
19 85 tons, and they replaced that with nine tons total on  
20 the skilled nursing side. And the psych side is the  
21 balance of that, so. Didn't really concern ourselves  
22 with the psych side much.

23 Q Do you have an opinion as to -- obviously it  
24 doesn't match the capacity of the permanent chiller?

25 A Correct.

1           Q     But what would be the effect of -- assuming  
2     the spot coolers are working, functional, what is the  
3     effect of putting them out in the locations that they  
4     did in terms of trying to keep the air, you know,  
5     comfortable, or at least trying to keep the temperature  
6     down from going up to excessive levels?

7           MR. WARREN:  Objection to form.  Go ahead.

8           A     Essentially, the capacity of the spot coolers  
9     was insufficient to cool the space in the patient areas.  
10    We did load calculations to determine what the  
11    requirements would be, and that's what the summary of  
12    this is, the load calculations in the summary.

13           So I'm a design engineer.  I do design for new  
14    buildings, and we design everything to scope and size  
15    all the time.  Usually they come to us and they say,  
16    here is my building, I want to maintain 75 in it, how  
17    many tons do I need to do that.  And we size the  
18    equipment, we size the air distribution, the air  
19    handlers, all that stuff, and we determine the capacity  
20    required to maintain a set point.

21           In this case --

22    BY MR. SMITH:

23           Q     And that's for a permanent, what you just  
24    described, people come to you and ask you to design --

25           A     Whoever designed that 125-ton chiller, that's

1 what they do.

2 Q I understand. So that's what you would do in  
3 a normal design situation?

4 A Right. So, on that same level, because that's  
5 the way we think, we said, okay, what would be the  
6 capacity required to maintain 81 degrees in the facility  
7 in the patient areas only, not even taking in the PT, or  
8 admin, or dining room, kitchen, all those things.  
9 That's what we highlighted there, was in the patient  
10 rooms only or patient areas only, what would be the  
11 capacity required to cool those spaces to 81 degrees.  
12 So that was the logic for our approach.

13 Q Okay.

14 A So we did the load summaries, we took the  
15 envelope load summaries based upon what we saw at the  
16 site. We saw the construction at the site, the concrete  
17 block walls, the fiberboard roof construction, the  
18 barrel tile, the flat roof on this part. From that  
19 information, we made our best estimate of what the hard  
20 values, U-values were of those construction types, and  
21 we did the load calculations based on the number of  
22 people, lighting in the hallways -- we didn't take the  
23 lighting in the rooms. We didn't include that. We  
24 didn't include the TVs. We tried to be conservative,  
25 giving the benefit of the doubt, because I wanted to



1 really know what the answer was, not try to skew it.

2 So we took the patient areas and we did the  
3 load calculations. On our best estimates, the number of  
4 people, staff, you know, computers, lights -- because  
5 essentially load is two things: you've got the envelope  
6 load, and you've got the internal loads. Internal loads  
7 don't really change. The envelope loads change all the  
8 time.

9 So anyway, what we did is created a load  
10 profile, and the load profile is what I showed you  
11 earlier, the summary. And we said with nothing, just a  
12 blank building, here is what I would need in a load  
13 profile to maintain 81 degrees. And you can see there's  
14 sometimes a day where I only need, you know -- in the  
15 middle of the night, I only need four-and-a-half tons,  
16 but in the middle of the afternoon, I need 12.4 tons to  
17 do that. This is the first floor patient areas.

18 Q So the middle of the night you said you would  
19 need four --

20 A Well, I think the lowest point is 4.4 tons in  
21 the middle of the night, at 4:00 in the morning.

22 Q Let me just ask you, the spot coolers they had  
23 deployed, to your understanding, on the first floor was  
24 how many spot coolers?

25 A Well, that's debatable. If you look at the

1 crime scene photos, they show more than -- the testimony  
2 of James Williams, James Williams said they had four.  
3 The crime scene shows five. So we took five because  
4 worst case.

5 Q So five spot coolers. And what is the  
6 capacity of those? What's the tonnage capacity?

7 A 5.8 tons total.

8 Q So 5.8 tons. So at least as to the middle of  
9 the night, it would meet that load capacity analysis?

10 A Well, it wouldn't lose ground. It would  
11 maintain basically. Because what this is is a snapshot.  
12 This is a snapshot at one time. It doesn't have any, it  
13 doesn't tell you what cumulative effect there is over  
14 time. It tells you at that particular moment in time,  
15 this is how many tons I need. It's a snapshot. It's  
16 assuming everything in the room is that temperature.

17 Q Let me go to the -- you said you had basically  
18 -- what scenario -- does it give you like hour by hour?  
19 I'm not looking at the document.

20 A Yeah. Those are Monday and Tuesday. Those  
21 are the two days. We took the Carrier Hourly Analysis  
22 Program, we physically put in the temperature profile,  
23 which we got from the IFAS facility, it's six miles  
24 away, and we put in the actual numbers and calculated  
25 the load based on those numbers in the patient areas

1 only, and that created what we call load profile.

2 Q Now, is the zone -- I'm sorry. I just want to  
3 catch up with you. You have the hour and --

4 A That's midnight.

5 Q Right. I get the hours. Then you have  
6 something called OA temperature.

7 A Outdoor air temperature. That's the weather  
8 data.

9 Q Then you have a zone temp?

10 A That's the set point inside.

11 Q What do you mean, set point?

12 A What you're trying to maintain.

13 Q And you have it at 80.7 to up to --

14 MS. SMITH: Why does it change?

15 A Why does it change?

16 BY MR. SMITH:

17 Q Yes.

18 A It's just basically saying that it fluctuates  
19 within a few degrees. I don't know the answer exactly  
20 why it changes, you know, .01 degrees, but it does  
21 change. I mean, we just put this in the computer  
22 program, and we put all the data in, and that's what it  
23 predicted the set points to be.

24 Q What's RH?

25 A Relative humidity.

1 Q And how did you -- is that the outdoor  
2 relative humidity or --

3 A No. That's what it is inside. 80.8 and 69  
4 percent RH.

5 Q And then zone sensible load, BTU?

6 A Sensible load, BTU per hour. Sensible load is  
7 just the temperature change. It doesn't have any  
8 humidity in it.

9 Q And then the far right column that says zone?

10 A That's actually mislabeled. It should be  
11 tons.

12 Q It should be zone tons that you would need to  
13 maintain?

14 A That's actually mislabeled. If you look at  
15 the second floor, it's labeled right. It's just tons.  
16 That's the tons we need to maintain the temperature in  
17 the space.

18 Q Let me look at it. So this is, page 1 of 178  
19 is the first floor?

20 A That's the first floor with nothing running.  
21 It's just the load in the building. This is the first  
22 floor when you put the AC units in there because they  
23 actually produce more heat than they cool. And our  
24 contention is that they were not ventilated to a vented  
25 -- they weren't ducted to a ventilated space. They were

1 ducted to a closed space.

2 Q All right. Hold on for one second. Before we  
3 get to that part of it, I want to understand this part  
4 of it.

5 Let's assume -- before we move on to how they  
6 were ventilated and what heat they were producing, what  
7 this document, Exhibit 178, tells me is that if I look  
8 at that far right column, that's the tons that they  
9 would need.

10 And the first page is the first floor, right?

11 A That's the first floor, correct.

12 Q And what they would, what they had, according  
13 to your estimate, was 5.8 tons of capacity with the spot  
14 coolers?

15 A Correct.

16 Q And so --

17 A So there were a few times on the first floor  
18 that it would maintain, not necessarily go backwards.

19 Q Right. So in the early morning hours. Then  
20 on the second floor, how many spot coolers did you find  
21 were located on the second floor.

22 A Three.

23 Q And what was the total tons per three spot  
24 coolers on the second floor?

25 A 3.3 tons.

1           So before you leave the first floor, let's  
2 talk about the impact of the AC units, because it's the  
3 other thing you have in your hand.

4           Q     What's the impact of the --

5           A     You have two things: this is without the AC  
6 units, this is with the heat added from the AC units.

7           Q     Just so I understand, page 1 is the first  
8 floor, bottom of the page is the second floor?

9           A     No, no. They're both the first floor. This  
10 is Monday, Tuesday, Monday, Tuesday, first floor, first  
11 floor.

12          Q     Thank you. So the first two pages are first  
13 floor?

14          A     Yes.

15          Q     The second page is -- you're saying you're  
16 adding in --

17          A     The heat of the condensers added back into the  
18 space. Because essentially what happened was they  
19 vented them to a confined space, but there's nowhere for  
20 it to go, so it comes back into the space.

21          Q     And how did you calculate the --

22          A     The added heat?

23          Q     The added heat, yeah.

24          A     Well, we took 20 percent of the evaporator.  
25 Because most condensers, which I researched, is 15 to 25

1 percent. Heat rejection is greater than the evaporative  
2 cooling. So basically, if you put this unit in a room,  
3 close the door, it gets warmer, not cooler. Okay. They  
4 put off more heat than they cool. That's the way they  
5 work. The condensers put off more heat than the  
6 evaporators cool, because it's essentially the work of  
7 the compressor is added to the evaporator cooling to get  
8 the total heat rejection.

9 So what we did on the second page was add in  
10 the heat that was coming back into the space from the  
11 ceiling.

12 Q And walk me through the calculation to add  
13 heat coming back from the ceiling.

14 A You add the condenser, the heat rejection, 20  
15 percent of the evaporative cooling. If you have 5.8  
16 tons, you take 20 percent of that and add it back in.

17 Q And where did the 20 percent come in?

18 A The middle range, from 15 to 25 percent.

19 Q What is the basis of the 15 to 25 percent?

20 A That's the average range for a condenser heat  
21 rejection, total rejection to evaporative cooling.

22 Q And what is the source if I wanted to go  
23 find -- do you have that document with you, the average  
24 range of total heat rejection from a condenser?

25 A We looked at it earlier, didn't we?

1 MR. WARREN: Yeah, you did.

2 A I have to find it. I'm not sure exactly where  
3 it is. Can I see that stuff? I paper-clipped a bunch  
4 of stuff together.

5 BY MR. SMITH:

6 Q If we can, we'll just mark this. This is the  
7 Condenser Total Heat of Rejection.

8 (Respondent's Exhibit 184 was marked for  
9 identification.)

10 A I had a couple things clipped in there. This  
11 is the original chiller. I don't know if you need that.

12 BY MR. SMITH:

13 Q We'll just mark that as 184.

14 I'm sorry. You have another document?

15 A This is the chiller that's there. That's the  
16 model number of the chiller.

17 Q 185 is --

18 A The spec sheet for the chiller.

19 Q Spec sheet for the chiller.

20 (Respondent's Exhibit 185 was marked for  
21 identification.)

22 BY MR. SMITH:

23 Q So the document, Exhibit 184, gives me the  
24 background information and the equation for calculating  
25 the total heat rejection?



1 A Uh-huh. Yes.

2 Q And did you do that calculation?

3 A We used 20 percent. If you look at the next  
4 page, it gives you the range. I don't know the numbers  
5 on the unit. There wasn't enough data on that unit to  
6 do that.

7 So it says it averages 15 to 25 percent for  
8 that rejection. So we used 20 percent, not 25.

9 Q I'm sorry. Can you just -- heat rejection,  
10 the equipment typically have a full load heat rejection  
11 factor in the range of 1.15 to 1.25.

12 A Correct. 15 to 25 percent.

13 Q That's 15 to 25 percent?

14 A Right.

15 Q It says, compressors used in HVAC equipment.  
16 Is there any difference between the compressor that's  
17 used in a spot cooler?

18 A No. They're all the same. Compressors are  
19 pretty much generic when it comes to direct  
20 dispatching systems.

21 Q Okay. So you said if we --

22 A If you go back to that first floor, we added  
23 the heat back in that was going into the ceiling and  
24 back into the space, and that's where you get that load  
25 profile from.

1           So you can see from that one, if I can look at  
2   it, it says, at certain times of the day, we needed 12.9  
3   tons to cool that space.

4           Q     That's if you were going to keep it at 81?

5           A     Right.

6           Q     Did you run this calculation if you said the  
7   temperature was going to be at whatever, 82, 84, 85?

8           A     No. Because the testimony James gave is it  
9   never got above 81. The impact of those units on the  
10  first floor wasn't that great. It only added a ton to  
11  the space. The impact was really felt on the second  
12  floor.

13          Q     Walk me through the calculation that you would  
14  do to -- you mentioned envelope load versus internal  
15  load.

16                  What are we looking at in Exhibit 178, pages 1  
17  and 2? Does that include --

18          A     That's the total load.

19          Q     That's the total envelope load plus --

20          A     Internal loads. Right.

21          Q     Is there some document that will tell me what  
22  were your assumptions on internal load?

23          A     No. I didn't break it down. Internal load  
24  consists of lighting, computers, people, things that are  
25  internal to the space. The envelope load includes the

1 windows, doors, exterior doors, walls, roof, window --  
2 it's the envelope of the building.

3 Q So if my expert HVAC mechanical engineer wants  
4 to recreate your calculations, what would be your key  
5 assumptions for your envelope rating, how well it  
6 holds --

7 MR. WARREN: Do you have an expert?

8 Q -- heat or cold?

9 MR. SMITH: Yes, we do.

10 A Well, you have to take the U-value of the  
11 walls.

12 BY MR. SMITH:

13 Q I'm sorry?

14 A You have to take the U-value of the walls.

15 Q What did you use?

16 A The inverse of the R-value. We took the block  
17 walls with stucco, concrete block, drywall, and furring  
18 in the old building. In the new building, we used R2.  
19 The roof was a fiberboard-type roof. We used a two-inch  
20 board of R5 plus the barrel tile. The new roof is a  
21 flat roof. It's a pan. We used three inches of R-value  
22 on the roof.

23 Q What's an R-value?

24 A Resistance, R-value. Like R19, like  
25 insulation value. The U value is the inverse of that.

1           Q     I know this all sounds very simple to you, but  
2     to me, I'm --

3           A     I'm trying not to --

4           Q     So what other, you know -- again, I'm going to  
5     hand this to a mechanical engineer to say, you know, I  
6     want you to go back and try to recreate the same, you  
7     know, the same --

8           A     Okay.

9           Q     So is that sufficient information for him to  
10    do that?

11          A     Well, he's got to know the people. We took a  
12    half a watt per square foot for lighting. The number of  
13    people is based on the beds plus staff.

14          Q     So half a watt per square foot --

15          A     Right.

16          Q     -- for lighting and then --

17          A     That's in the corridors only. We didn't use  
18    the patient rooms, because the patient rooms are  
19    incandescent. They were really high. But we didn't use  
20    those because we assumed they would be off.

21          Q     And then your patient or person load is how  
22    many people did you assume?

23          A     It's the number of beds. It's right here.

24          Q     So just tell me --

25          A     82 beds on the first floor and 70 on the

1 second for 152-bed unit, licensed beds.

2 Q 152 beds, so you figured 152 people. Did you  
3 add staff?

4 A Yes.

5 Q And how many staff did you add?

6 A I believe we had four on each floor. I think  
7 it was eight total. I'd have to look back at that.

8 Q The 152 beds was all the skilled nursing  
9 facility?

10 A Uh-huh.

11 Q Yes?

12 A That's what they're licensed for.

13 Q And that was your assumption, 152 residents,  
14 plus how many staff?

15 A I believe we took eight. I could be off by  
16 one or two only.

17 Q So that's -- you've got 160 people. And when  
18 you do this calculation, this load calculation, is there  
19 a particular computer program or model that's used?

20 A Yes.

21 Q What is it called?

22 A It's called an Hourly Analysis Program by  
23 Carrier.

24 Q Hourly?

25 A Yeah. It's HAP, H-A-P, Hourly Analysis

1 Program.

2 Q By Carrier?

3 A Uh-huh.

4 Q Is that a -- what's the typical use of that  
5 program? Is it for design of new buildings?

6 A Uh-huh. That's what you use to design  
7 buildings with.

8 Q So this would answer the question, if you were  
9 designing a new building, to maintain that temperature,  
10 what would you need to maintain that temperature in  
11 terms of load?

12 A We would never design for 81 degrees, though.

13 Q If the patient load was lower, do you know  
14 what the degree of impact was? Let's say if it was 120  
15 or 122.

16 A We took the seated-at-rest number. So it's  
17 like 600 BTUs per person, something like that. It's not  
18 a real big number. We just took the number of beds  
19 because we assumed -- we didn't know how many people  
20 were in there.

21 Q All right. And you said you have additional  
22 documents with your load capacity on 178?

23 A We did the same thing for the second floor.  
24 And we did the base load with nothing going on, and that  
25 was the first document. That's the base load that says,

1     okay, on a load profile, the snapshot at any given time  
2     on Monday and Tuesday, this is the load it required,  
3     this is the amount of refrigeration and capacity  
4     required to maintain 81 degrees.

5           Q     And basically, you had 3. --

6           A     3.

7           Q     3.3 available. And then I could compare that  
8     in the far right-hand column and see that, again, in the  
9     overnight hours, it seems like at least some of the  
10    overnight hours is --

11          A     Well, that's without the units running down  
12    below. This is with the units running on the first  
13    floor. And the way we determined that was we took the  
14    roof cavity above the ceiling of the first floor and  
15    determined that that was a confined space. So the  
16    temperature in the confined space would be elevated  
17    to -- we took 95. It's probably hotter than that.

18                    We took the temperature drop across the floor  
19    and then the U-value of the floor where there's no  
20    insulation. It's just concrete block, masonry slab.  
21    And we took the U-value of .4, the area times the delta  
22    T, and then we got the temperature transmission across  
23    the floor.

24                    So we essentially create the floor load by  
25    dumping all the heat into this ceiling. It created a

1 warm floor to this floor. Similar to when you go skiing  
2 and you stay in a place that has a heated floor. Have  
3 you been to one of those places where you walk around  
4 barefoot and it's nice and comfortable? Kind of like  
5 that.

6 Q Tell me again so my expert can recreate it.  
7 What were your assumptions as to the warm-floor effect  
8 of venting into the ceiling on the first floor?

9 A Well, we took the ceiling space at 95 degrees.  
10 Normally, you wouldn't insulate between floors because  
11 you would think that the floors would be the same  
12 temperature. So on a two-story building, they're both  
13 conditioned floors, so you wouldn't take any  
14 transmission to the floor.

15 But what happened was when these things were  
16 vented into this confined space, they raised the  
17 temperature of the ceiling -- of the space between the  
18 ceiling tile and the floor, which transmitted to the  
19 second floor through the slab. So we added the floor  
20 load to the base load, and that's how we came up with  
21 the second number. So you can see sometimes on the  
22 second floor, we needed 4.9 tons to cool it, day one.

23 Q And what assumption do you make about how that  
24 95 degree passes through concrete and --

25 A It's no assumptions. You just calculate the



1 heat transfer. You know the U-value of concrete, you  
2 know the area of the floor.

3 Q Well, okay, so that's all I'm trying to get so  
4 my guy can recreate it.

5 A There's no assumption there.

6 Q There's a U-value of concrete that you use?

7 A Correct.

8 Q You assume 95 percent temperature?

9 A No. 95 degrees.

10 Q 95 degrees temperature.

11 A So the delta T would be 15 degrees for an  
12 80-degree floor above it. So we use 15-degree delta T,  
13 .4 for a U-value, and then took the heat through the  
14 floor, added the floor load to the base load to get the  
15 total load.

16 Q And that also included that same calculation  
17 you did, same calculation that you did for the  
18 compressor load itself on the second floor?

19 A No. We didn't even consider that. There was  
20 one area on the second floor that was actually vented.  
21 This little, small compartment right here, this space  
22 has vents in it. This one maybe got outside, vented to  
23 the outside. These down here were still enclosed.

24 You know, when they build these buildings,  
25 they separate them into smoke compartments so when

1 there's a fire in one area, it doesn't go to the other.  
2 So they have airtight compartments between the spaces.  
3 Everything you see, C, D, and A, that's a smoke-tight  
4 compartment. So by design, they don't let the air go  
5 from one to the other.

6 Q So on the space between floor one and floor  
7 two, did you determine was there any venting or  
8 opportunity for the heat to leave each of the  
9 compartments and go to the outside?

10 A There was no venting.

11 Q How did you determine that?

12 A Look above the ceiling and see if you see any  
13 light, for one thing. It's just an air -- it's a solid,  
14 dark compartment. We looked on the outside. There's no  
15 exterior vents anywhere. So there was nowhere for that  
16 air to go.

17 It went into the ceiling cavity, and those  
18 ceilings are so leaky, they just come back out somewhere  
19 else. You can't blow into a straw with your finger over  
20 it. It has to go somewhere. The heat went into the  
21 ceiling, dispersed, and came out somewhere else.

22 Q As far as what you based your determination  
23 that there was no venting, you made a visual inspection  
24 of the outside envelope of the building?

25 A Yes, we did. And inside. We popped the

1 ceiling tiles in every cavity.

2 Q So then in each smoke compartment, you looked  
3 up inside to see if you could see any light?

4 A Right. Correct.

5 Q Do you know if there were --

6 A Well, more than that, we took a flashlight and  
7 looked at the perimeter walls to see if there were any  
8 openings.

9 Q And is there any kind of eave or anything  
10 where --

11 A Between the first and second floor, no.

12 Q And there's no other opportunity for -- how  
13 about for on the second floor?

14 A The second floor doesn't have vented soffits.  
15 It did have these two gable vents on this little smoke  
16 compartment right here. These two go all the way up.  
17 But there were two gables here that were vented.

18 And the second floor has a higher volume, too.  
19 It's a sloped roof. So it heats up, but it takes longer  
20 because there's more air volume.

21 This has very little space. There might be  
22 two feet between the ceiling and the deck. That's  
23 generous. And so this space is very confined, so it  
24 didn't take long to heat this up. When you're dumping  
25 hot air in there, it's going to heat up pretty quick.

1 Q Right. When you say pretty quick, if I'm a  
2 geologist, that could be -- I don't know. They measure  
3 things in eons.

4 A No, no, I can't predict time.

5 Q A day?

6 A The air change, if you just took the air  
7 change volume, and you have to take the CFM discharge of  
8 the unit and figure out how many times to change the  
9 air, it wouldn't take a day. It would be hours.

10 Q To reach 95 degrees?

11 A Yeah. I mean, your discharge air coming off  
12 those units is probably 95 plus.

13 Q And how did you determine the 95 degrees in  
14 the ceiling?

15 A I just took a conservative number. I think  
16 it's higher than that. I think it was probably more  
17 like 100 and 110. But we just determined that -- most  
18 condensers, there's a 20-degree rise across the  
19 condenser coil. So we took 15-degree rise.

20 So if it's 80 degrees in a building and you're  
21 putting 80 degrees through a condenser and then pumping  
22 it out the top, 15 degrees is conservative. I think  
23 it's probably more 20, 25 degrees. But we just based it  
24 on the delta T across the condenser and the discharge  
25 area of that unit.

1           Q     Anything else you did with the load  
2     calculations other than what you've told me?

3           THE WITNESS: Did I miss anything?

4           MR. WARREN: I think you've covered it.

5     BY MR. SMITH:

6           Q     As far as the load capacity, if you were to  
7     add say somewhere between 50 and a hundred people that  
8     were moving about in the building, would that increase  
9     the load capacity that would be needed to keep things  
10    cool?

11          MR. WARREN: Object to form. Go ahead.

12          A     People put off heat. But if you're referring  
13    to the evacuation, I mean, it was 75 degrees when they  
14    started evacuating people outside. If anything, opening  
15    the door is probably cooling it off a little bit.

16                So to answer your question, people put off  
17    heat, and more people in a space would require more  
18    load, but not a significant amount. I mean, 600 BTs' a  
19    person. So there's 12,000 BTs in a ton. Do the math.  
20    It takes a lot of people to make a ton.

21                Where is my calculator?

22                Okay. 20 people is one ton basically.

23          Q     20 people per ton basically?

24          A     I mean, yeah. That's rough numbers. Yeah.

25          Q     Is that at rest or moving about?

1           A     600 is considered like sedentary work. It's  
2     not -- I mean, there's different ranges. I don't have  
3     the actuary with me, but they give you different numbers  
4     for different levels of work. Aerobic exercise here and  
5     seated here.

6           Q     Is there anything else that you did in your  
7     load calculations that we haven't discussed?

8           A     No. We just determined that the floor load  
9     was significantly increasing the temperature on the  
10    second floor. I mean, it was far more than the 3.3 tons  
11    that they had available.

12          Q     So your conclusion would be that they did not  
13    have the sufficient capacity to maintain the building at  
14    81 degrees?

15          A     That's correct.

16          Q     Have you made any calculation or determination  
17    of what you believed the temperature was at any given  
18    time from September 10th when the power was, to the  
19    chiller was lost in the afternoon up through the time of  
20    the evacuation? Have you --

21          A     No. There's so many factors, that's pretty  
22    hard to predict the temperature. I mean, it's the  
23    thermal mass of the building, how long did it take to  
24    heat up? The properties of the building, is it -- heavy  
25    construction takes longer to heat up and also takes a

1 long time to cool it down.

2 So the rate of heat, I don't know what the  
3 rate of heat gain would be necessarily in the building,  
4 so I can't -- it's harder to predict the actual  
5 temperature at any one time in the building. There's so  
6 many factors, it's pretty hard to do that.

7 Q I just want to be clear. You're not going to  
8 offer any opinions on how hot it was in terms of  
9 temperature at any given time in the building?

10 A Well, my opinion is going to be there was no  
11 way they maintained 81 degrees in the second floor.

12 Q I got that part. So are you going to say it's  
13 85, 90, 95?

14 A I'm not going to speculate to the temperature.  
15 I'm just going to say there's no possible way they can  
16 maintain 81 on the second floor.

17 Q How about on the first floor?

18 A If you were in very close proximity to one of  
19 those coolers, maybe. Like if you were within -- well,  
20 the manual, which you have somewhere over there, states  
21 that you're required to -- that one of these units will  
22 cover 355 square feet. Okay. That's what their  
23 application manual says. So if you're in an 18-by-18  
24 area, according to their manual, they can condition that  
25 area.

1           Q     Have you done a calculation using the manual  
2     of how many coolers you thought they would need?

3           A     I'll do it for you real quick.  If you do the  
4     area, 355 -- let's just take the patient area.  Forget  
5     that.  12,545 divided by 355.  35, and they had three.

6           Q     You're saying that's for the second floor?

7           A     Yeah.

8           Q     What was the square footage on the second  
9     floor of the patient care?

10          A     12,545 is what we measured.

11          Q     Why would there be -- so if that's what the  
12     manufacturer is saying --

13          A     It's right here.

14          Q     -- why would your load capacity calculations  
15     be -- hold on.  Let me get my question out.

16                     Your load capacity analysis, it seemed that  
17     for some of the area, second floor, just the envelope --  
18     I'm sorry, just second floor without the added load from  
19     the first floor, that you had times of the day where the  
20     three spot coolers seemed to be sufficient to keep the  
21     temperature at 81.

22          A     3.3, is there any of those times?

23          Q     Let's see.  There is 3.3 -- there is like, I  
24     guess, the early morning hours --

25          A     Yeah.



1 Q -- it would do that.

2 A To answer your question, I don't know how they  
3 came up with their number.

4 Q You would agree with me there appears to be a  
5 big disconnect between 35 coolers and your load analysis  
6 which is saying, at least in the early morning hours,  
7 you would need maybe three?

8 A You've got to understand the --

9 MR. WARREN: Object to form. Go ahead.

10 A That's a load profile. And what I would do  
11 with that information, I would take the highest number  
12 and say that's the amount of capacity I need to cool the  
13 space.

14 BY MR. SMITH:

15 Q As far as the manufacturer's manual, do you  
16 know at what, when -- the 355 square feet that they're  
17 giving, to what temperature would it keep the 355 square  
18 feet, to keep it a 74, 72?

19 A It's not stated in the manufacturer's  
20 material, so I don't know. Like I said, I don't know  
21 how they arrived at that number. That's just their  
22 number.

23 Q Between the two, is there one that you think  
24 would be more accurate what -- the manufacturer's number  
25 that they've given, if you wanted to know how many do I

1 need to keep it at 81 degrees, would you say your load  
2 analysis or the manufacturer number that they've  
3 generally given is more accurate?

4 MR. WARREN: Object to form. Go ahead.

5 A Well, the manufacturer's number is somewhat  
6 generic. The load analysis is specific to the building  
7 with the actual take-offs for spaces, and the people,  
8 and walls, and so forth. So I would say the load  
9 analysis is more accurate. But again, with the load  
10 analysis, you can't take the lowest. You have to take  
11 the highest.

12 When I design a building, I don't take the  
13 lowest number. I take the highest number because it  
14 can't work just for two hours. It's got to work all the  
15 time.

16 So when I design a building -- whoever  
17 designed this building, they came up with 125 tons  
18 needed to cool this space. That was obviously on a  
19 design day, but there are several days when it's not  
20 going to take 125 tons. It's going to take half of that  
21 or less.

22 Q And how many tons per unit do you get for this  
23 spot cooler?

24 A What's the tons per unit?

25 Q Yeah.

1 A They're 1.1 tons.

2 Q 1.1 tons.

3 A 13,200. There was one on the first floor that  
4 was 16,800 BTUs.

5 Q And did you take that into account, the  
6 16,800?

7 A Yes. That's part of the 5.8 tons on the first  
8 floor.

9 Q Got you.

10 A Yeah. There were three of them that were on  
11 the second floor were all the same, 3.3 tons, or 1.1  
12 tons each. There were four 13,200 BT units on the first  
13 floor, or 1.1, and one that was 16,800.

14 Q Is there anything else in the load  
15 calculations, any other opinions about the load  
16 calculations that you haven't shared with me so far?  
17 I'm getting ready to move off if there's not another.

18 A I think we've covered that pretty well. Like  
19 I said, generally I would do a load profile, and I have  
20 to take the worst case. I take the highest load, and  
21 that's how I would size a unit.

22 Q Is there some reason in the temperatures in  
23 your analysis you did the 11th and the 12th but not the  
24 13th?

25 A Just because those are the two days of, full

1 days of weather data. The 13th was a part day. Like I  
2 think it was 6:00 or 6:30 when they started evacuations.  
3 So we just took two full days of weather data.

4 Q I'm going to show you Exhibit 175, which is  
5 the AmeriCool manual. Is there any other information  
6 that you've used -- I see some highlighting on page 13.

7 A It's the highlight of the high-pressure  
8 controls. I was just highlighting they have  
9 high-pressure controls in there. So if it's too hot in  
10 the space and it can't reject the heat, it goes off of  
11 high pressure.

12 Q Do you have any indication or do you have an  
13 opinion as to whether these units would have shut off  
14 because of high pressure?

15 A I have no way of knowing that.

16 Q In the photographs that you reviewed, did you  
17 look at the temperature readings on any of the spot  
18 coolers, and could you tell if the spot coolers were  
19 running at the time that those photos were taken?

20 A There was one photo, I think, of one that had  
21 a 74 maybe, I think. I'd have to go back and review  
22 them.

23 Q There's two temperature readings on the gauge.  
24 One is the temperature reading --

25 A The current temperature.

1 Q The current temperature meaning the  
2 temperature in the building?

3 A It means the temperature at that spot cooler.

4 Q Around the spot cooler?

5 A Yes. Then there's also a temperature set  
6 point next to it.

7 Q Do you know how far out the cool would radiate  
8 from the spot cooler, you know, how far out you would  
9 have that 74 reading? Would it be --

10 A I don't know. It wouldn't be very far, but --  
11 according to the manufacturer, it was an 18-by-18 area.  
12 355 is what they say.

13 Q So that would be some way to try to get a  
14 sense of how far out --

15 A Nine feet this way, nine feet this way.  
16 That's what the manufacturer says. I mean, there's a  
17 reason they call them spot coolers, because they're  
18 designed to cool a specific area.

19 Q Right. It's not intended to be a replacement  
20 for a --

21 A Right.

22 Q -- full HVAC system?

23 A No.

24 Q It's kind of something you --

25 A In the proximity of those in the hallways.

1 And there was none in the patient rooms, so they would  
2 cool that area in the hallway.

3 Q So would deployment of the spot coolers, you  
4 think it made it worse in the building in terms of heat?

5 A I think it did on the second floor.

6 Q How about -- and on the first floor?

7 A I think it had a marginal impact on the first  
8 floor. I don't think it was as significant as the  
9 impact on the second floor just because the heat was  
10 just coming back in the space. It wasn't heating up the  
11 slab.

12 MR. MENTON: For disclosure, we are going to  
13 ask him what the indications would be if you closed  
14 all the windows or mostly -- mainly closing the  
15 windows, what that would be.

16 BY MR. SMITH:

17 Q Go ahead and tell me, in your load analysis,  
18 is there any assumption as to whether windows are opened  
19 or closed?

20 A That's assumed they're closed. Yeah, we did  
21 loads based with closed windows. There was two fans --  
22 this, like I said, this seems to be a newer -- these had  
23 constant ventilation, and those fans would have been  
24 running. And so they were pulling air out of the  
25 building, and we did include that in our load.

1           Q     Tell me about the two fans.  You mean like  
2     building fans --

3           A     These components right here.  Yeah, this --  
4     you know, these are all intermittent exhausts.  These  
5     are like bathroom fans in your house where you turn the  
6     light on, the fan comes on.  AHCA doesn't allow that  
7     anymore.

8                     This was done later because obviously -- this  
9     had constant ventilation.  This had a fan at the end,  
10    which ACHA requires now.  And it was pulling air -- on  
11    the day I was there, it was running.  And it's pulling  
12    air out the building, and it's only pulling the exhaust  
13    from the bathrooms and areas like that.

14                    So we estimated it based on ten air changes of  
15    our exhaust.  That's what AHCA requires for bathrooms  
16    and janitor's closets.  And so we did have some  
17    infiltration based on those fans running.

18                    These were intermittent, so we didn't take  
19    those.  Those are a switch for lights.

20           Q     And I just want to understand.  With the fans,  
21    are you saying they're exhausting to the outside?

22           A     Uh-huh.

23           Q     From where?

24           A     From the bathrooms, and the janitor's closets,  
25    and anything that had to be negative.  So they were

1 actually pulling some heat out of the building, and we  
2 calculated that infiltration because it would be pulling  
3 air from outside in.

4 Q Did you, during your site visit, note  
5 whether -- as far as the HVAC system itself, how it was  
6 ducted and where the air handlers were?

7 A We located all the units. They were mostly  
8 above the ceiling. There were two on the floor in  
9 closets, but most of them were above the ceiling, fan  
10 coil units. And we took good measurements on a typical  
11 room unit.

12 Q And tell me about the room units. What are  
13 those?

14 A They're chilled water fan coils. They have a  
15 thermostat which controls a three-way valve on a coil,  
16 and it's just a single return, single supply.

17 Q Is there an air handler, a fan in that  
18 individual room unit?

19 A There is a fan in the unit. Correct.

20 Q What effect does it have if that -- because my  
21 understanding is the power to the chiller, so there was  
22 no chilled water running, went out --

23 A Right.

24 Q -- but the fan units could continue to run.

25 A Just moves air around. I mean, it don't cool



1 -- it actually adds heat because you've got the  
2 horsepower of the motor you now have to add. They just  
3 move air. They don't cool anything. The cooling is all  
4 done by the chilled water blowing air across that coil.

5 Q Do you know how quickly, once the chiller lost  
6 power, how quickly would it, the water lose its ability  
7 to provide cooling? How quickly would the chilled  
8 water --

9 A I mean, it doesn't take long at all. It's  
10 only coming out at 45 degrees. So it warms up pretty  
11 fast when it stops flowing. The pumps went out, too, so  
12 there would be no flow. So it wouldn't have any  
13 residual effects very long at all.

14 Q Let me go to Exhibit 174. This was a spec  
15 sheet. Other than finding the BTU data, did you use  
16 that for any other purpose?

17 A No. I used it to find the manufacturer, which  
18 I used to find the Weltem, or the American version of  
19 Weltem.

20 Q Then Exhibit 175, I guess that's what you  
21 called the owner's manual?

22 A Right.

23 Q Just tell me any other use that you made of  
24 this document.

25 A Just to see the capacity of the units, the

1 note that it had high-pressure controls, and also to  
2 look at the area of recommendation for those by the  
3 manufacturer, the application area.

4 Q What you were telling me about the square  
5 footage area?

6 A Yeah. That's called the application area on  
7 the second page, I think. I think I highlighted that.

8 Q Did you use it for any other purpose?

9 A No. Just to determine the capacity.

10 Q Does it speak to the venting at all?

11 A No, their brochures didn't cover the venting.  
12 That's why I had to start looking at other manufacturers  
13 that discussed that.

14 One thing before you leave that, if you look  
15 at the second page of that document -- I'm sorry, this  
16 one. This one, the second page is --

17 Q This one is Exhibit 174.

18 A I'm sorry. The second page shows you there's  
19 other options. They do make water cooling units on  
20 remote condensers. They're not required to have the air  
21 blowing into an attic or ceiling. They could have used  
22 a water-cooled unit, which would have not required any  
23 venting at all. It would just take water and reject  
24 heat into the water. There were options for them to use  
25 that style.

1           Q     As to that option or the option of maybe using  
2     more spot coolers, did you do some analysis to say in  
3     the time period leading up to the landfall of Hurricane  
4     Irma, how many of those were out and about and available  
5     from --

6           A     No.

7           Q     -- rental companies?

8           A     I didn't survey the rental companies to see  
9     what they had.

10          Q     Did you read Mr. Williams' deposition that he  
11     wanted to get more spot coolers?

12          A     I did.

13          Q     But ten was what they had, and ten is what he  
14     took?

15          A     I read that. He also got four from Memorial  
16     later, I guess, and one of them didn't work. One was  
17     non-functional.

18          Q     Would you agree that during the time of a  
19     natural disaster, laying your hands on whether it's air  
20     cooled or water cooled or vented to the ceiling or  
21     self-contained, obtaining spot coolers might be a  
22     difficult task?

23                   MR. WARREN: Object to form. Go ahead.

24          A     I never tried, so I can't answer that.

25     BY MR. SMITH:

1 Q Have you ever seen Home Depot on a hurricane  
2 day?

3 A I've been in Home Depot. I bought plywood.

4 Q It can be pretty challenging, true?

5 MR. WARREN: Object to form.

6 A Like I said, I've never tried a spot cooler.

7 BY MR. SMITH:

8 Q Anything else that you used the owner's manual  
9 for that you haven't discussed, or the spec sheet?

10 A Not that I'm aware of.

11 Q So that's Exhibits 174 and 175. Where is 176?  
12 Let me see what we've got next.

13 A That's just the discussion of venting. There  
14 it is. It just says why it's necessary to vent, because  
15 it rejects heat. I just thought I'd print it out.

16 Q And you say how to vent --

17 A In that article, they discuss how to vent to a  
18 window, which I didn't print all that.

19 Q And did you arrive at the conclusion that you  
20 should not vent to the ceiling based on your review of  
21 this?

22 A Well, I think it had to be more than this  
23 article. I think I knew that anyway when I first talked  
24 to Steve and Dave. I said, where did they reject the  
25 heat to? That was one of my first questions. Because

1 any air conditioning system, it works by absorbing heat  
2 and rejecting it somewhere else. That's how they work.

3 Q What did you make of the language that says  
4 portable air conditioners can also be vented through a  
5 window, wall, ceiling, or even a door?

6 A I meant you have to reject the heat somewhere  
7 other than the room. You can't reject the heat back in  
8 the same room you're trying to cool.

9 Q But you could put it into a ceiling space?

10 A Well, not if it's not ventilated you can't. I  
11 mean, it's got to go to a ventilated space. That's what  
12 this says more clearly. This just says it needed to be  
13 vented to the exterior or required venting.

14 Q You directed me to 177 --

15 A Right.

16 Q -- exhibit, and it says, for ceiling kit  
17 users. And you've --

18 A Which is what that two-by-two tile is. It  
19 goes into the ceiling and, that hose, that's a kit.

20 Q And it says that, note, the space where the  
21 makeup air and discharge air is directed, normally above  
22 a drop ceiling, must be well ventilated and large enough  
23 for the heat load to be absorbed.

24 Is that the language that you're referencing?

25 A That is.

1           Q     Have you done any Google research to determine  
2     if there's any information available on, specifically  
3     for an ICEN spot cooler? How do you install ICEN spot  
4     cooler? Have you done that search?

5           A     Not for that brand. I mean, portable air  
6     conditioners in general are all the same. I didn't  
7     really look at ICEN. ICEN is made by Weltem. That is  
8     that Korean company.

9           Q     Did you find other information on how to vent  
10    that you didn't print out and bring with you today?

11                   For example, did you find any information  
12    online that would have told you that you take the  
13    ceiling tile, remove it, put in that ICEN replacement  
14    ceiling tile and duct it to the ceiling?

15          A     No, I didn't find anything that specifically  
16    said ICEN or talked about -- the only thing I found was  
17    what I printed with the ceiling kit requirements, that  
18    you have to ventilate -- you have to reject the heat to  
19    a ventilated space.

20          Q     But if -- does it, you know, this -- you said  
21    that there was a high-pressure control on the ICEN  
22    units, right?

23          A     That's correct.

24          Q     And so if, in fact, you were venting to a  
25    ceiling space and it wasn't well ventilated and the heat

1 built up, then it would shut off because of the  
2 high-pressure control, right?

3 MR. WARREN: Object to form. Go ahead.

4 A Well, it's possible, but in this case, it was  
5 dumping the heat back into the space. Those ceiling  
6 tiles you see are not very -- they're very porous. If I  
7 blow air above that ceiling, it's going to come right  
8 back down because it's dumping it into an acoustical  
9 ceiling that went nowhere, and it came back in the  
10 space.

11 BY MR. SMITH:

12 Q Well, this document, Exhibit 177, says, if the  
13 condenser discharge and return space is unventilated,  
14 closed off, or unable to handle the heat load, the  
15 makeup air will continue to get hotter until the system  
16 is not able to handle the high heat buildup. This will  
17 lead to the unit tripping its high-pressure safety  
18 switch, and if this occurs, you'll get an HP on the  
19 control panel.

20 So, I mean, these units come with a, sort of a  
21 safety feature that if it's not properly ventilating and  
22 it's building up, then it should trip off?

23 MR. WARREN: Object to form.

24 BY MR. SMITH:

25 Q Is that right?

1 MR. WARREN: Go ahead.

2 A Well, no. They come with a system that keeps  
3 the compressor from overheating. So the high-pressure  
4 control is in the condenser. So when the condenser  
5 temperature gets too high, it trips the high-pressure  
6 control so it doesn't fry the compressor.

7 So in this case, you're blowing into an  
8 acoustical ceiling, but it's coming back out of the  
9 ceiling. So it's raising the temperature of the  
10 ceiling, but it's, you know -- it's not like blowing  
11 into a straw with your finger on it. It's coming out  
12 somewhere.

13 BY MR. SMITH:

14 Q And this warning or this description of the,  
15 how the -- venting into a confined space, you don't  
16 think they mean in a typical drop ceiling with the  
17 acoustic tiles; they're talking about something else in  
18 this Exhibit 177?

19 MR. WARREN: Object to form. Go ahead.

20 A I don't know what they mean by confined space.  
21 Confined space to me is an unventilated space. So, I  
22 mean, when you're discharging it into an acoustical  
23 ceiling, normally -- like on the second floor, we have  
24 this one area right here that had the louver vents. We  
25 discharge it into the ceiling, the heat was able to go



1 out these louvers. It was a ventilated space.

2 On the first floor, we have no ventilated  
3 space. It goes into the ceiling, comes back out through  
4 the ceiling tiles into the first floor, but at the same  
5 time, it heats up that space above the ceiling. As I  
6 said, we took 95 degrees, but I think it was hotter than  
7 that above that ceiling.

8 BY MR. SMITH:

9 Q But on the first floor, I thought you told me  
10 earlier that you thought that, you know, they may have  
11 been maintaining on the first floor.

12 MR. WARREN: Object to form.

13 BY MR. SMITH:

14 Q I thought that's what you had told me.

15 A No. I said in close proximity to the cooler,  
16 it's possible to maintain temperature, you know, but not  
17 uniformly throughout the floor.

18 Q Okay. Let's go ahead and talk about 176, and  
19 177 was the ceiling kit information.

20 178 is your load calculations, which I had  
21 gotten all your information on load calculations at this  
22 point, correct?

23 A Yes, sir.

24 Q Let me look at 179.

25 A That's just weather data.

1 Q And this is just what you used in your load  
2 calculations?

3 A Yes.

4 Q And you sourced it up in the corner that it's  
5 from UF/IFAS extension historical weather data?

6 A Correct.

7 Q Do you have 180? What is 180?

8 A My field notes. That was a typical room.

9 Q And this is from your site visit?

10 A Yeah. Took measurements in that room, that  
11 typical room. And then the red is calculations we did  
12 back at the office when I took that, calculated the  
13 capacity of that unit based on what we measured in the  
14 field.

15 Q What does it mean -- oh, the 68 tons was for  
16 the common areas on the --

17 A Let me see if I can refresh my memory.

18 Oh, I was saying that the patient rooms were  
19 about 68 tons. So the balance is the common areas.  
20 Just based on this number of -- essentially the rooms  
21 were one ton each. 10,440, it's a little less than a  
22 ton each. I was just trying to speculate how much the  
23 patient rooms took up and how much the common areas just  
24 to give myself a sense of what we're dealing with  
25 capacity-wise.

1 Q We have 181, which would have been --

2 A What's 181?

3 Q Let me take a quick look. I may have marked  
4 it, but if it's the drawing --

5 (Discussion off the record.)

6 BY MR. SMITH:

7 Q 181 is a drawing, life safety, with the photo  
8 locations.

9 A That's this one.

10 Q Other than simply putting on that drawing  
11 where the photos were taken, what information did you  
12 include on this drawing?

13 A Well, I showed the spot coolers based on the  
14 photos.

15 Q And is there a conclusion --

16 A Locations.

17 Q Okay. And you wrote in the temperatures and  
18 the photos?

19 A That were on the guns, yeah.

20 Q And what conclusions did you reach as far as  
21 the information that is on Exhibit 181?

22 A Well, obviously I looked at the gun readings.  
23 They were measuring surface temperatures in the  
24 building. They weren't measuring air temperatures.  
25 They were measuring surface temperatures.

1           So, I mean, quite honestly, surface  
2   temperatures take a lot longer to come down and go up.  
3   So they're more stable than air temperatures. So, you  
4   know, the fact they're reading 100 degrees, it means the  
5   surface -- the room air was at one point that hot and  
6   now it -- if it cools down, the surface temperatures  
7   take longer to cool down because of the thermal mass.  
8   So they don't respond as quickly as air. Air can change  
9   temperatures pretty quick, but surfaces don't.

10          Q     Would typically -- after the overnight hours,  
11   what would happen as the sun comes up and the surface is  
12   receiving sunlight?

13           MR. WARREN: Object to form.

14   BY MR. SMITH:

15          Q     Would that cause the surface temperatures to  
16   rise or decrease?

17          A     Well, if it had direct sunlight on it, it  
18   would -- just like if you sat out in the sun, you'd feel  
19   warmer. Some of these are in the hallways. They didn't  
20   have any light on them. So if it had direct sun, it  
21   would change the surface temperature. But it takes  
22   longer -- thermal mass, I mean, when things heat up, it  
23   takes a long time to cool them down, too. It depends on  
24   the material, but some --

25          Q     Do you have an opinion on the photograph --

1           MR. MENTON: You cut him off. He was still  
2           talking.

3           BY MR. SMITH:

4           Q     I'm sorry. You can continue, if I did cut you  
5           off.

6           A     I don't recall. Go ahead.

7           Q     Do you have an opinion as to whether the  
8           temperatures taken by the police department at 11:30 on  
9           September 13th are reflective of the temperatures that  
10          would have existed at some earlier point in time?

11          MR. WARREN: Object to form. Go ahead.

12          A     Like I said, the surface temperature of the  
13          material takes longer to change than the air  
14          temperatures. So it would have -- I think it was like  
15          three hours or four hours after they evacuated the  
16          building. I mean, it might have -- I don't know if it  
17          went up or down based on the temperature, but it  
18          wouldn't vary by more than a couple degrees because it  
19          just takes longer, I mean, to heat up, to cool down.

20          BY MR. SMITH:

21          Q     Do you have some calculation or information  
22          that you can share with us on how long it would take?

23                 So say from 4:00 a.m. in the morning until  
24          11:30 in the morning, how much would the surface  
25          temperature have increased or decreased?

1           A     It's very hard to predict that.  You would  
2     have to know the density of the material, you would have  
3     to know the temperature variation within that timeframe.

4           Q     Would it be fair to say you can't really  
5     conclude with any kind of certainty that the  
6     temperatures taken by the police at 11:30 in the morning  
7     are going to be reflective of the temperatures that  
8     existed at 3:00 in the morning?

9           MR. WARREN:  Object to form.

10          A     Well, again --

11                 (Phone ringing.)

12          A     The question again, please.

13     BY MR. SMITH:

14          Q     The temperatures taken at 11:30, there's no  
15     way that you can say with any degree of certainty that  
16     the temperatures that were taken at 11:30 in the morning  
17     on September 13th are going to be reflective of the  
18     temperatures that were existent at 3:00 in the morning  
19     on September 13th?

20          MR. WARREN:  Object to form.

21          A     Well, I can say that the temperature wouldn't  
22     vary that much because of the storage of thermal mass,  
23     of the materials.  The air temperature could change  
24     quicker than the surface temperature of the materials.  
25     The rate of change is pretty hard to predict because you

1 have to know -- there's quite a few variables to that.

2 BY MR. SMITH:

3 Q First of all, the surface temperatures are not  
4 going to reflect the ambient air temperature?

5 MR. WARREN: Object to form.

6 BY MR. SMITH:

7 Q Is that true?

8 A Not necessarily. Something in this room -- if  
9 the air temperature is 73 and you touch that wall, it's  
10 probably 73, too. I mean, everything reaches  
11 equilibrium in the room.

12 MS. SMITH: What if you touch that lightbulb?

13 A Well, that lightbulb is generating heat. That  
14 wall's not.

15 MS. SMITH: That's right.

16 BY MR. SMITH:

17 Q If you have a lamp shade and you take the  
18 temperature off the lamp shade, it's not going to  
19 reflect what the air temperature is, right?

20 MR. WARREN: Object to form.

21 A They're not apples to apples. You're taking a  
22 heat-producing item and saying the temperature of that  
23 surface is not the same as the room. If I took a  
24 benign, you know, piece of wood or drywall or something  
25 in the room, they would be close to air temperature.

1 BY MR. SMITH:

2 Q Let me ask you this: When the heat exhaust  
3 was going into the ceiling, is there any way for that  
4 exhaust to find its way into wall cavities?

5 A I don't know the construction type, how the  
6 walls are sealed. Typically, in nursing homes, they're  
7 pretty tight seals between the walls and the ceiling  
8 cavities, but I don't know, for example, in this  
9 particular case if it's sealed between the wall cavity  
10 and the ceiling cavity.

11 Q But if there's opportunity for heat to find  
12 its way into the wall cavity, would it stand to reason  
13 that the wall temperature, for example, might not  
14 reflect what the ambient air temperature is?

15 MR. WARREN: Object to form.

16 A It's speculation. I don't know. I don't know  
17 how the construction, the building is constructed. I  
18 don't know if the wall cavity is open to the seal or  
19 not. Typically, they're not.

20 BY MR. SMITH:

21 Q I think I asked you earlier, are you planning  
22 then that you think that you can extrapolate, based on  
23 the temperature readings taken by the police department  
24 at 11:30, that you can extrapolate to some point in time  
25 and tell me what you think the ambient air temperature



1 in the building was?

2 MR. WARREN: Object to form.

3 A I don't think I said I could speculate on the  
4 air temperature. I can say with certainty it was above  
5 81, though.

6 BY MR. SMITH:

7 Q You told me that, and you said you weren't  
8 going to tell me how far above 81. And I just wanted to  
9 go back and --

10 A I don't know the answer to that. I mean, I  
11 believe -- the load calculations, everything shows me  
12 it's above 81, but I don't know what the temperature  
13 was.

14 Q And you're not going to offer some opinion as  
15 to how far above 81 it was in the building at any time,  
16 correct?

17 A No.

18 MR. WARREN: Object to form.

19 A You know, like I said, there's so many  
20 variables to predict temperature that I would not  
21 predict the temperature of this place. I can tell you  
22 what the refrigeration capacity required to maintain 81  
23 degrees. And if you don't have that, the temperature is  
24 going to climb into space. To what extent it climbs is  
25 based on a lot of factors.

1 BY MR. SMITH:

2 Q And I understand you told me it's based on lot  
3 of factors. I just have to have a clear record.

4 You're not going to tell me, here's my  
5 estimate of what that was, what the temperature was at  
6 some point in time?

7 MR. WARREN: Object to form.

8 A I've never offered an estimate of space  
9 temperature. I just said it's more than 81.

10 BY MR. SMITH:

11 Q And you don't plan to do that here, you don't  
12 plan to offer some other estimate of what the  
13 temperature was?

14 A No.

15 Q All right. We were talking about your -- I  
16 got sidetracked talking about the photographs that  
17 you've marked on Exhibit 181, the photo locations.

18 MR. WARREN: What is this one, Geoff?

19 MR. SMITH: It's 181. Thank you.

20 BY MR. SMITH:

21 Q Is there anything else you've concluded or  
22 observed based upon your notations on Exhibit 181?

23 A No. This is more or less what I used to  
24 locate the spot coolers and determine their capacity and  
25 try to orientate myself with the photos.

1           Q     Is there any other observation or information  
2     that you plan to make about the temperature readings  
3     that are shown in the photos?  Is there any other  
4     information that, conclusions, observations that you  
5     would make based on that information?

6           A     No.  This was just a recording of what was  
7     shown on the crime scene photos.

8           Q     In your field notes, there's intermittent  
9     exhaust fan vented through exterior.

10          A     That's what I told you.  In the old space,  
11     they have a duct from the fan that goes from the light  
12     -- it switched the light and goes to the outside into a  
13     wall cap.  AHCA would never allow that now.

14          Q     Okay.  But where is it and --

15          A     Every bathroom.

16          Q     Every bathroom.  So the exhaust fan would pull  
17     air out of the building?

18          A     If it was running, but they typically aren't  
19     running.  They're intermittent.  They turn them off with  
20     a light switch.

21          Q     But the exhaust would exhaust out air from  
22     inside the building?

23          A     Yes.  They would exhaust the bathrooms.  In  
24     this case, they were continuous.  They had fans running.  
25     That's the way they do it now.  This was just

1 intermittent exhaust with a switch.

2 Q And just for our record, this space was smoke  
3 compartment A?

4 A Yes. I'm sorry.

5 MR. WARREN: First floor.

6 A First floor, second floor.

7 BY MR. SMITH:

8 Q Well, if the fans aren't on, but they're --  
9 wouldn't the -- wouldn't that be a pathway to vent to  
10 the outside? Even if they're not, you know, pulling  
11 air, wouldn't it just find its way --

12 A They're ducted, and they go into the fan  
13 itself, which goes up into the ceiling below. So it's  
14 not open to the cavity above. It's ducted from the fan  
15 to the exterior. If you look up above the ceiling,  
16 there's no opening in that duct. It's from the fan to  
17 the wall cap.

18 Q So from the fan to the --

19 A There's an exterior wall cap out here. If you  
20 look at these photos, you'll see wall caps on that  
21 building.

22 Q And the wall cap, is it just like a slanted,  
23 keep the rain out of it, but it --

24 A Yeah. It's got a little back draft tamper in  
25 it typically.

1 Q But it allows air to come out or in, right?

2 A No, not in. It's got a little baffle on it.  
3 It's like a dryer vent or something, wall cap. When you  
4 flip the fan on, it blows air out, pops the thing open.

5 Q All right. Any other observations on 181?

6 A No, not that I'm aware of.

7 MR. MENTON: Just for disclosure, probably ask  
8 him about the conservative nature of the estimates  
9 made and how that impacts on the load calculations,  
10 just to put that in perspective a little bit. I  
11 mean, not to quantify it.

12 A Yeah. We just -- I mean, in our -- we didn't  
13 include any of the PT or admin area, we didn't include  
14 the dining room, we didn't include the kitchen. We  
15 tried to limit our calculations just to the patient  
16 wings.

17 BY MR. SMITH:

18 Q And do you know if those areas were left open  
19 and were they trying to cool those areas with the spot  
20 coolers?

21 A They weren't -- I don't know. There was no  
22 information about spot coolers in the kitchen. I mean,  
23 I'm sure they had to eat for three days. I'm sure they  
24 were using the kitchen. There was no information about  
25 the kitchen in any of the documents.

1           Q     Let's take a look at Exhibit 182.  What was  
2     that?  I think you said you had a drawing with three  
3     sheets that you did your load calculation areas on.

4           A     I just highlighted the patient areas, what we  
5     consider patient areas.  So when you look at those  
6     numbers, this is what it reflects.

7           Q     So the highlighted yellow would just be --  
8     that's the area that you were calculating what -- your  
9     load calculations would pertain to those areas on the  
10    first and second floor?

11          A     Correct.  The first floor, I mean, there's a  
12    lot of kitchen, a lot space on the first floor that's  
13    not included.  The second floor is really the whole  
14    floor.  When you look at the second floor, it's really  
15    the whole floor because there's nothing but patient  
16    areas on the second floor.  That was just delineating  
17    what was included in the load calculations.  Those were  
18    the plans we were measuring from.

19          Q     That was Exhibit 182.

20                 And then Exhibit 183 is --

21          A     These are field notes when we were out there  
22    at the site.  I don't know what you can glean from this.  
23    This is where we sketched out where the units were, and  
24    we measured this blind return.  We did it just trying to  
25    find them all, basically.  Most of them were above the

1 ceiling and only two that are down below. So we popped  
2 a lot of ceiling tiles.

3 Q 184 is a condenser total heat of rejection. I  
4 think you already told me about it. That's just the  
5 calculation formula that you used to --

6 A Oh, yeah. That's just, yeah, the numbers we  
7 used to come up with the heat, it came back into the  
8 first floor.

9 Q Right. That was the 15 to 25 percent?

10 A Right.

11 Q Which you chose 25 percent?

12 A 20.

13 Q 20. And then 185 was the information on the  
14 chiller.

15 A Right.

16 Q And what did you glean from looking at the  
17 information on the chiller that's there?

18 A Just the total capacity, 125 tons.

19 Q Did you use that for anything other than  
20 saying that's what they put in?

21 A That's what they lost.

22 Q That's what they lost. You told me earlier  
23 they were trying to replace it with --

24 A Yeah. They lost 125 and put 15 in.

25 Q And do you know what that chiller is capable

1 of doing in terms of keeping the building cool? Would  
2 they be able to keep it at 71 degrees?

3 A I don't know. I don't know the answer. I  
4 didn't do those kind of load calculations. Apparently  
5 it does the job, apparently.

6 Q Are there other conclusions or opinions that  
7 you formed that we haven't discussed so far?

8 THE WITNESS: Can you think of anything?

9 A I think we've discussed everything.

10 MR. WARREN: No. I mean, other than if you're  
11 able to get the plans from the city.

12 A Yeah. We didn't have access to those. When  
13 we went to the site, they indicated they had them, but  
14 we never got a copy of them.

15 MS. ALLISON: Excuse me. I think we said we  
16 would look for them.

17 MS. SMITH: Stop, stop, stop.

18 MR. MENTON: Hold on, hold on, hold on.

19 A What I started to say was James Williams  
20 indicated he had plans when I asked him when I first  
21 walked in the door, and before you interjected.

22 BY MR. SMITH:

23 Q Be that as it may, we'll address discovery  
24 issues through discovery, and we'll go from there. But  
25 I just want to make sure I've gotten all your opinions



1 and the information that you intend to share in this  
2 proceeding.

3 A I think you have. I mean, I --

4 MR. SMITH: All right. I don't have any other  
5 questions.

6 MR. WARREN: We'll read.

7 (Deposition concluded at 5:22 p.m.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE OF OATH

STATE OF FLORIDA

COUNTY OF HILLSBOROUGH

I, the undersigned authority, certify that  
WILLIAM SCOTT CRAWFORD, PE personally appeared before me  
and was duly sworn.

WITNESS my hand and official seal this 20th  
day of February, 2018.

\_\_\_\_\_  
JULIANA M. CARY, RPR  
Notary Public - State of Florida  
My Commission Expires: 6/7/20  
Commission No.: FF992000

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

REPORTER'S CERTIFICATE

STATE OF FLORIDA  
COUNTY OF HILLSBOROUGH

I, Juliana M. Cary, RPR certify that I was authorized to and did stenographically report the deposition of WILLIAM SCOTT CRAWFORD, PE; that a review of the transcript was requested; and that the transcript is a true and complete record of my stenographic notes.

I further certify that I am not a relative, employee, attorney, or counsel of any of the parties, nor am I a relative or employee of any of the parties' attorney or counsel connected with the action, nor am I financially interested in the outcome of the foregoing action.

Dated this 20th day of February, 2018 IN THE CITY OF TAMPA, COUNTY OF HILLSBOROUGH, STATE OF FLORIDA.

\_\_\_\_\_  
Juliana M. Cary, RPR

1 PLEASE ATTACH TO THE DEPOSITION OF WILLIAM SCOTT  
CRAWFORD, PE TAKEN ON FEBRUARY 16, 2018 IN THE CASE OF  
2 STATE OF FLORIDA, AGENCY FOR HEALTH CARE ADMINISTRATION  
V. REHABILITATION CENTER AT HOLLYWOOD HILLS, LLC

3

PAGE LINE CORRECTION AND REASON THEREFOR

4

\_\_\_\_\_

5

\_\_\_\_\_

6

\_\_\_\_\_

7

\_\_\_\_\_

8

\_\_\_\_\_

9

\_\_\_\_\_

10

\_\_\_\_\_

11

\_\_\_\_\_

12

\_\_\_\_\_

13

\_\_\_\_\_

14

\_\_\_\_\_

15

\_\_\_\_\_

16

\_\_\_\_\_

17

\_\_\_\_\_

18

\_\_\_\_\_

19

\_\_\_\_\_

20 I HAVE READ THE FOREGOING PAGES AND, EXCEPT FOR ANY  
CORRECTIONS OR AMENDMENTS INDICATED ABOVE, I HEREBY  
21 SUBSCRIBE TO THE ACCURACY OF THIS TRANSCRIPT.

22 \_\_\_\_\_  
WILLIAM SCOTT CRAWFORD, PE DATE

23

24 \_\_\_\_\_  
WITNESS TO SIGNATURE DATE

25